





## APPEARANCES (continued):

ROBERT W. MITCHELL  
(302) 340-1254

STUART ELLIOTT  
for MPA, Pitney-Bowes  
SLS Consulting  
1920 N Street, NW.  
Washington, D.C. 20036  
(202) 349-7323

PETER HUME  
7837 Southdown Road  
Alexandria, VA 22308  
(703) 768-2018

JOEL THOMAS  
National Association of Presort Mailers  
1195 Mace Road  
Annapolis, MD 21403  
(410) 990-1180

MARC SMITH  
United States Postal Service

BARRY WALSH  
United States Postal Service - Operations

TED PEERSALL

NALAN MONCH  
Postal Rate Commission

JOANNE MONACO  
Postal Rate Commission

LARRY FINFIELD  
Postal Rate Commission

CHARLIE ROBINSON  
Postal Rate Commission

JOHN WALLER  
Postal Rate Commission

Heritage Reporting Corporation  
(202) 628-4888

## APPEARANCES (Continued):

ERIC KOETTING  
United States Postal Service  
475 L'Enfant Plaza, S.W.  
Washington, D.C. 20260



1 asked you if you were aware that the sum of LI and LF  
2 was not necessarily equal to the unique number of  
3 sorted pieces in the plant.

4           Would you agree that if you considered the  
5 bundle LI, LF as you describe it on page 3 of the  
6 handout versus an index number LI plus LF that the  
7 latter is the more general characterization of the  
8 plant output, that the pair of outputs is a more  
9 general characterization of output than the sum of the  
10 WO?

11           MR. ROBERTS: I guess the first point to  
12 make is that output here is always -- in my view of  
13 the world, output is always sorted pieces and output  
14 never changes as a result of what the plant does,  
15 okay? It's the number of pieces of mail that arrive  
16 at the plant.

17           Now, they can arrive with different  
18 characteristics, different amounts of presorting, bar  
19 coding, et cetera. They can leave with different  
20 characteristics, different depth of sort, but the  
21 number of pieces of mail that comes in never changes  
22 as a result of what the Postal Service does within the  
23 plant.

24           So all I'm doing is taking that total number  
25 of letters and saying we can divide it up into boxes.

1 The sum of the boxes will always add up to the total  
2 number of pieces that come in, so nothing is ever  
3 being created or destroyed here.

4 Now, is it more general to represent output  
5 as a quantity or number of pieces of mail with one set  
6 of characteristics and a different quantity with a  
7 different set of characteristics?

8 Sure. That's more general than adding the  
9 two together and saying, no, they really all have the  
10 same characteristics.

11 So I think of the bundle LI, what I've  
12 called LI and LF in the model, those are two mutually  
13 exclusive categories. The sums of the pieces in each  
14 those two categories add up to the total number of  
15 pieces that are there in the plant.

16 MR. BOZZO: Now I want you to just consider  
17 the LI part of the bundle which is represented as a  
18 stylized fact of the data that there's relatively  
19 little secondary handling in what you'd consider the  
20 initial or outgoing sorting function. So let's assume  
21 for the sake of discussion that in the initial sort  
22 process that the Postal Service can in fact sort the  
23 mail initially to all of its destinations in one pass.

24 Why wouldn't it be true that MODS TPF  
25 wouldn't measure LI in that case?

1 MR. ROBERTS: So give me this case again?  
2 What are you saying?

3 MR. BOZZO: I'm saying that assume for the  
4 sake of discussion that the outgoing or initial sort  
5 can take place in one sort pass.

6 MR. ROBERTS: So all that the plant is doing  
7 is taking in an initial number of letters, sorting  
8 them one time and they're all sent out at the same  
9 depth of sort, the same characteristics on the  
10 outgoing --

11 MR. BOZZO: They are sent out at some depth  
12 of sort that can be achieved in one sort pass.  
13 Exactly what depth of sort we don't have to make  
14 assumptions about that. I'm just saying whatever set  
15 of output sorting characteristics they have, they can  
16 achieve it in one pass.

17 MR. ROBERTS: So each letter is handled one  
18 time?

19 MR. BOZZO: Right.

20 MR. ROBERTS: And whether you want to count  
21 it as coming in the door here and counting it when it  
22 arrives in the door or whether you want to count it  
23 when it moves one time through one machine and that's  
24 it, sure, you need the same number in that example.

25 MR. BOZZO: And is it your understanding

1 based on the MODS definition that TPF for an outgoing  
2 operation is about equal to LI as long as there's not  
3 too much secondary sorting?

4 MR. ROBERTS: I never really thought much  
5 about it because I've just never used -- I've never  
6 viewed TPF as that useful a measure, other than a  
7 measure -- in automated operations as a measure of  
8 machine time. I think there is something to that.  
9 I've never really thought about it as a measure in the  
10 kind of way you're describing it.

11 What we want is a measure of the number of  
12 pieces of mail. We want volume measures.

13 Now, there may be some cases where there's  
14 other ways to measure volume. I think that's great.  
15 I'm happy to think about it. I don't know how general  
16 that is.

17 MR. BOZZO: The other thing that I would  
18 like to ask -- Tom Bozzo still -- regarding your  
19 measuring the cost drivers section of the  
20 presentation, Section 2 where you claim that TPF is  
21 not an output measure, you note that TPF is  
22 proportional to hours of machine time using the  
23 operation.

24 Is it fair to say that you got that  
25 relationship out of an equation in my R2K5 testimony?

1 MR. ROBERTS: Yes, that's in your paper.

2 MR. BOZZO: And are you aware that if you  
3 add another technical parameter, which is to say the  
4 number of people that it takes to staff a machine, you  
5 can establish the proportionality of portion of work  
6 hours and TPF?

7 MR. ROBERTS: I don't know what you mean.

8 MR. BOZZO: Okay. If you take basically a  
9 throughput index and multiply it by TPF or literally  
10 if you divide TPF by throughput you get machine time.  
11 Is that correct?

12 MR. ROBERTS: Mm-hmm.

13 MR. BOZZO: Now, if you take TPF, divide by  
14 throughput, take that quantity and multiply it by the  
15 number of people that it takes to staff a machine,  
16 that gets you a measure of a portion of the labor  
17 hours of the operation. Isn't that right?

18 MR. ROBERTS: Okay.

19 MR. BOZZO: So if TPF is proportionate --

20 MR. ROBERTS: At a constant? Is that the  
21 thing that you're multiplying it by? Is it something  
22 that's always constant, when the machine is running?

23 MR. BOZZO: Well, it may --

24 MR. ROBERTS: It is on your TPF side, right?  
25 It's constant when the machine is running. Is the

1 labor relationship constant when the machine is  
2 running?

3 MR. WALSH: This is Barry Walsh, Postal  
4 Operations. Essentially, it is.

5 Most all the machines are assigned what's  
6 called a labor index and there's a standard staffing  
7 for it.

8 Now, occasionally it may be that they run  
9 short staff or something, but of the most part, yes,  
10 it's a constant relationship.

11 MR. BOZZO: Yes. It's more constant for  
12 operations like BCS where there's a single person  
13 feeding a machine, a single person working the sweep  
14 side. There are machines like the AFSM which have  
15 variable capacity based on, say, how many of the input  
16 stations are worked, where things are a bit more  
17 complicated, but taking BCS as an example -- well, in  
18 fact, even if the staffing index is non-constant,  
19 isn't it true that the staffing is still dependent on  
20 the number of sortations that the machine has to  
21 perform to complete the sort plan?

22 MR. ROBERTS: I would assume that the longer  
23 the machine runs the more people you need to operate  
24 it. Sure.

25 MR. BOZZO: I guess the question is if TPF

1 is proportional to machine time and work hours,  
2 basically depending on exactly which set of technical  
3 parameters --

4 MR. ROBERTS: Do you see that in the data?  
5 Do you see TPF proportional to work hours in an  
6 operation? I mean, that's your productivity measure,  
7 right? That has a wide range of outcomes.

8 MR. BOZZO: Sure. There is a distribution  
9 of productivity, but of course the elasticity of work  
10 hours with respect to TPF, which is what the Postal  
11 Service's models purport to measure, it also varies  
12 but is on average something in the vicinity of 85  
13 percent, depending on the operation.

14 I guess the factor of proportionality  
15 doesn't matter. I guess my question is if TPF is  
16 proportional to some degree to both machine time and  
17 some work hours, how is TPF only a measure of capital  
18 input? That's my last question.

19 MR. ROBERTS: So I think the idea, the way I  
20 was viewing it, is that people are clocked in to  
21 operations, right? They're clocked in, they're  
22 producing man hours in those operations regardless of  
23 whether the machine is processing mail this instant or  
24 not. If the machine is operating -- if the machine is  
25 turned on but it's not processing anything, you're

1 still accumulating hours in the operation, right? And  
2 the capital services say here's exactly how much the  
3 machine is running, here's exactly how much work or  
4 input the machine is providing. So I just viewed it  
5 as you could have man hours that are operating the  
6 machine regardless of whether it's counting a piece of  
7 mail or not and then you have an independent measure,  
8 you have an additional measure now of just how many  
9 pieces the machine counted, how many minutes or how  
10 many seconds that machine was operating.

11 I don't see where those things are nailed  
12 down in a fixed proportion and your data shows they're  
13 not, right? Because that's just your productivity  
14 measure. So we know that those things vary.

15 So I viewed one as a measure telling me just  
16 how much work the machine did, the other is telling me  
17 how many man hours I've got clocked into the  
18 operation.

19 Sure, they're going to be related.  
20 Absolutely. If the machine is used more, you're going  
21 to see more labor hours and you're going to see more  
22 TPF. Absolutely there's going to be a strong positive  
23 correlation, but I think it's a strong positive  
24 correlation between the two inputs that are being used  
25 to sort this stack of letters that arrived at the

1 machine and I don't see any measure there of output  
2 where output is number of letters sorted. What did  
3 they look like coming in, what did they look like  
4 going out, there's no measurement of that at all.  
5 It's purely a measurement of how many seconds the  
6 machine is running and how many man hours are clocked  
7 into the operation. I see those as correlations  
8 between two inputs.

9 MR. BOZZO: If you don't see any output in  
10 piece handling, then what do you see as the purpose of  
11 operating the machines?

12 MR. ROBERTS: Output as we want to measure  
13 output for the purposes of measuring marginal cost.  
14 We're trying to quantify the cost effect of an  
15 expansion in output. When we say that, we mean the  
16 volume of mail and so what I'm always looking for in  
17 this data, what I'm always looking for in my model is  
18 how do we pin down the volume of mail here? And I  
19 don't see the volume of mail in the plant or anywhere  
20 else when I look at these two variables for a BCS  
21 operation. I don't see where that's related to the  
22 volume of mail that's moving through the plant.

23 Sure, they're giving us information about  
24 what's going on in the plant. Absolutely. In fact, I  
25 spent a lot of time saying what does TPF measure? How

1 can I even think about this? How do I approach it?  
2 Because, sure, it's got information in it, right? I  
3 mean, it's certainly varying with something that's  
4 going on in the plant and there's information there.  
5 How should we use it? How should we fit that into the  
6 general framework? And that's where I start thinking  
7 about it as an input, rather than an output.

8           What it goes back to is when I talk about  
9 output I always mean volume of mail because ultimately  
10 that's what we're trying to measure the cost of and  
11 everything else, there can be intermediate steps and  
12 your cost driver methodology takes advantage of this.  
13 Intermediate steps that are linked in a way to  
14 volume, I don't think linked as cleanly as your model  
15 assumes, but that are linked to volume, but they're  
16 still not volume and ultimately we want the marginal  
17 cost of the cost of an additional piece of mail.

18           MR. WALSH: Barry Walsh, Postal Operations.  
19 I'd like to -- maybe I can shed some light on what's  
20 going on and what the link is between TPF and volume  
21 as you refer to it.

22           One of the main things that's going on that  
23 causes this wide variation in productivity that you  
24 referred to in looking at the data, say, in the DBS,  
25 to take a simple case, is the impact of having to

1 change the scheme on the machine or the sort plan.

2           If you're doing incoming mail, your final  
3 final, you have a lot of scheme changes because you're  
4 sorting to many very separate places, you sort the  
5 mail to Reston, you sort the mail to Alexandria, you  
6 sort the mail to Arlington, and you have to put on a  
7 new scheme for each one of these. It doesn't make any  
8 difference how much mail you've got, as long as you  
9 have any mail for Alexandria, you have to run the  
10 Alexandria scheme.

11           The number of the scheme changes and the  
12 time that you take for each of these scheme changes  
13 accounts for that difference in predication that  
14 you're seeing.

15           Once you account for that, you have a fairly  
16 direct relationship between TPF and total volume  
17 because you simply have to look at the number of  
18 passes it takes, adjust for the scheme changes and  
19 you've got your total volume and that's the way it  
20 actually works in practice. When we do budgets or we  
21 do planning, that's the kind of thing that we plan.

22           MR. ROBERTS: I understand that and that  
23 makes a lot of sense. Once the scheme is set -- maybe  
24 this is what you're saying -- once the scheme is set  
25 and you know how many passes each letter has to take

1 to be sorted, there's a direct link between TPF and  
2 volume.

3           Now, the problem is what are we using?  
4 We're using quarterly data, we're using data that's  
5 aggregated up across many, many, many hundreds or  
6 thousands of scheme changes, right?

7           So what we're seeing at the quarterly level  
8 is what comes out as the relationship between hours  
9 and TPF.

10           So I'm not surprised that productivity  
11 varies. When I look at the productivity measure,  
12 actually that makes a lot of sense, this being one  
13 reason, but what Tom was trying to push is the idea  
14 that there ought to be some fixed relationship between  
15 these two things and I'm just saying it ain't in the  
16 data, when you look at quarterly data.

17           Now, maybe if you go down to the level of  
18 we're running one scheme this evening and it's not  
19 going to change, then maybe there is a fixed  
20 relationship, but that gets wiped out through the  
21 aggregation that we go on, so we're only going to see  
22 that at a more aggregate level in the kind of data  
23 that we're using.

24           MR. WALSH: I think you can actually see  
25 this when you look at the difference in productivities

1 between what you refer to as initial and final, we  
2 call them outgoing and incoming sorts. On your  
3 outgoing, you do have these long, long runs. You have  
4 a scheme that you're running for the whole country and  
5 you run it for a very long time. On the incoming, you  
6 have all these multitude of scheme changes. So we  
7 tend to end up with a lot more economies of scale on  
8 the incoming than we do on the outgoing.

9           MR. ROBERTS: That's a good argument for  
10 separating the output, not saying that there's just  
11 plain vanilla FHP that goes through the plant, but  
12 that there's FHP of different types going through the  
13 plant. I agree with that. That point was brought up  
14 in my last seminar and I was aware of that at the time  
15 and I actually responded that this -- kind of breaking  
16 them down into these different categories is a useful  
17 way to go and so what I tried to do this time is say  
18 can I make some progress on doing that with the MODS  
19 data? I think, yes, I've made some, but I think it's  
20 a reasonable starting point and that's exactly what  
21 the disaggregation into these two types of output is  
22 trying to account for. And I would expect to see  
23 different labor implications for the outgoing  
24 processing than for the incoming processing because  
25 they're different animals, they're doing different

1 things. Yes, Intervention motion happy with that.

2 MR. HUME: I'm Peter Hume. I'm a private  
3 consultant. I've worked with the Postal Service for a  
4 long time.

5 In light of those differences in  
6 productivity, I can recall when I first started  
7 working on postal stuff there was virtually no  
8 automation and most sorting was done manually on cases  
9 and so the direct relationship between piece volume  
10 and man hours was pretty self-evident. The  
11 differences in productivity and in marginal cost among  
12 facilities was extremely large, a factor of  
13 three-to-one in unit costs sometimes. You could  
14 actually see that. And the point is that the data  
15 were not MODS data in those days. The data were  
16 really actual pieces and man hours because that's what  
17 we used to count.

18 Further to that point, I wonder if you have  
19 sufficient data that you can disaggregate your MODS  
20 data among facilities and in particular facilities  
21 which have unusual characteristics or differential  
22 characteristics. There are some facilities which, for  
23 instance, like in Washington work near a place where  
24 there's a lot of paperwork comes out and there are  
25 other places where there's a lot of bulk mail comes

1 out and these disaggregations might give you some  
2 insight as to whether you have enough variables in  
3 your model.

4 MR. ROBERTS: I don't know anything about  
5 these plants other than -- including their geographic  
6 location -- other than what's reported in the MODS  
7 data. That's been one of the frustrations I've found  
8 in working with the MODS data. I'd like to know more  
9 about particularly geographic locations on some of  
10 these plants, but that's not something that's  
11 available to me.

12 Now, it is available to the Postal Service.  
13 I guess it could be used, but it's not something I  
14 have access to.

15 MR. HUME: I had tried to do that in the  
16 past, not having full access to the data, but very  
17 frequently I found that once you start disaggregating,  
18 your models fall apart because you don't have enough  
19 degrees of freedom to handle the models you have and  
20 so practically it's a difficulty, but I would  
21 certainly advise if you can get disaggregated data  
22 that would be very helpful.

23 MR. ROBERTS: Well, this data is at the  
24 level of the processing plant in a quarter. That's  
25 the unit of observation, so 350 approximately plants,

1 aggregated over 12 weeks of data. So it is  
2 disaggregated in that sense.

3           Your question was one of are there things  
4 that are atypical that are going on in some of these  
5 plants. Yes, there certainly are. I pointed to one  
6 in the seminar where there are some plants, there's  
7 about 40 to 50 plants, that have no automated flat  
8 sorting that I can see. When I look at the automated  
9 flat sorting operations, there are no hours reported,  
10 there's no TPF reported, so I assume it's not there.  
11 I'm joust looking at the data and saying, okay,  
12 I don't see any report, I assume those operations  
13 don't exist. I'd really like to know that for  
14 certain.

15           MR. HUME: But my point is that if you do  
16 know that, then you can do that to gain confidence in  
17 your models. They should be representing that  
18 correctly and if they're not then you've really got a  
19 problem.

20           MR. ROBERTS: I completely agree. One of my  
21 recommendations at the end was that I think we really  
22 ought to move to standardizing the set of plants we're  
23 looking at for just this reason. There are plants  
24 that are clearly not doing the same set of operations  
25 as others. I don't understand why, but certainly the

1 postal staff does, and I think it would be useful to  
2 sit down and go through the plants one by one, 350,  
3 you've only got to do it once, go through them one by  
4 one and say is this a plant that is a representative  
5 plant in terms of the mix of technologies that it's  
6 using, in terms of the volume of mail that it's  
7 handling, in terms of the service area, the geographic  
8 area that it's serving. Is this somehow a  
9 representative plant that we think of as being one of  
10 the plants that's carrying the workload for sorting  
11 the mail?

12           If we came up with 200 plants that looked  
13 like that or 250 plants, I would be much happier using  
14 a data set like that than I would be a data set with  
15 350 plants where those last 100 or 150 are quite odd.  
16 I don't feel comfortable using that.

17           What I have at my disposal to look at is  
18 only the reported operations, but I think it would be  
19 nice to standardize the set of plants. I think that  
20 would help.

21           MS. DREIFUSS: Would you identify yourself,  
22 please? We know you in the room, but on the  
23 Internet --

24           MR. PEERSALL: My name is Ted Peersall  
25 again.

1           I've looked at the MODS data that we've had  
2 from time to time here and one of the things I've  
3 noticed is that from quarter to quarter part of the  
4 MODS data is the number of zip code delivery offices  
5 that are being served by each plant and one of the  
6 interesting things about this is that it changes quite  
7 a bit from quarter to quarter.

8           What that suggests to me is that the mail  
9 can be moved among plants, it can be processed, the  
10 mail destined for a particular zip code can be  
11 processed at different locations at the option of the  
12 Postal Service.

13           If that's the case, then a model such as  
14 yours that takes the workload at the plants  
15 essentially as exogenous isn't quite right.

16           Have you given that any thought, looked at  
17 any of this?

18           MR. ROBERTS: Well, I guess the way I would  
19 approach it, Ted, is saying if a plant was receiving  
20 mail from an area that it didn't normally sort for, I  
21 don't know, to use an example, there's a problem in  
22 Richmond and some of the mail is shifted up to  
23 Northern Virginia to be sorted instead, then what's  
24 relevant in our model and in the Postal Service model,  
25 too -- well, I'll speak for my model. What's relevant

1 in my model is that we see an increase in volume of  
2 mail in the plant and it really doesn't matter whether  
3 that mail was coming from a Richmond plant which is  
4 not doing it and shipping it up here or if it just  
5 came from an increase in collection mail in this area.

6 In my model, that wouldn't matter. What  
7 matters is just the number of letters coming into the  
8 plant and I'm viewing the plant -- I'm trying to model  
9 the inside of this plant, but what's coming in doesn't  
10 really matter to me.

11 Now, where it would matter, where it should  
12 matter, is the kind of thing I was trying to get at  
13 with the multiple outputs.

14 Now, if the mail that was coming up from  
15 Richmond was already sorted to a different level than  
16 the collection mail that was coming in, I would want  
17 to be able to distinguish that. I would want to put  
18 those FHP counts in different boxes but it would still  
19 be ultimately -- at the bottom line, it would still  
20 just be a count of pieces that come in and that's what  
21 I would want.

22 I'm not sure how I would use or how I would  
23 even think about mail coming from Richmond being  
24 different from mail being collected in this area or if  
25 that would really be important.

1           MR. PEERSALL: Let me point out that if you  
2 use the elasticity estimates as system variabilities,  
3 then you run into a problem. If you treat them as  
4 just plant level variabilities, then there's no  
5 problem.

6           MR. ROBERTS: So you're talking about a  
7 process where you aggregate over plants to try and  
8 count what's going on on a system wide level. Yes.  
9 Yes. I could see where that's going to be an issue  
10 there. That's not what I'm doing.

11           MR. BOZZO: Tom Bozzo again. But isn't it  
12 fair to say that you really don't treat your output  
13 measure as exogenous because of your use of the  
14 instrumental variables procedures? That is, whether  
15 the endogeneity is coming from the Postal Service's  
16 choice of how to allocate mail across facilities  
17 versus measurement error, the instrumental variables  
18 problem basically addresses both endogeneity from both  
19 sources? Is that approximately true?

20           MR. ROBERTS: Well, depending on what you  
21 use as your instruments. I mean, different sources of  
22 endogeneity lead you to different instruments. And I  
23 talked about that quite a bit in my old paper. I  
24 haven't revisited that issue here. There is an  
25 example in my old paper about, you know, gee, if

1 indogeneity arises from differences across plants, you  
2 need a different kind of instrument than if it arises  
3 from instrument error. So, no, I wouldn't say that.  
4 There's no such thing as vanilla endogeneity. You've  
5 got to know what's the reason for endogeneity.

6           Now, it's true endogeneity has the same  
7 implications for the coefficients, but how you go  
8 about correcting it depends on what the source is.

9           That's why I think the instrument that I  
10 used -- basically, I'm just using the FHP count of the  
11 other shape -- is one I think that works well and  
12 satisfies the requirements well for the measurement  
13 error problem. I would have to rethink it if the  
14 endogeneity came from a different source, I would  
15 rethink using that instrument. I think it's a good  
16 one for the measurement error problem, which I think  
17 is the main issue that's coming up with dealing with  
18 FHP.

19           Now, one aside, too. Actually, one of the  
20 things I thought about, the measurement error problem  
21 in FHP arises for a couple of reasons. One is because  
22 it's weighed. Okay. That's not exactly piece counts,  
23 it's weight, but the other is because the conversion  
24 factor is what's changing over time.

25           One way to dampen down some of the

1 measurement error problem in FHP is to update the  
2 conversion factors a lot more frequently. We saw when  
3 I used the data the first time, the '94 to 2000, I  
4 think was the last data set we looked at, the '94 to  
5 2000, the conversion factors were changed kind of in  
6 '97, maybe, it was roughly in the middle, '98, so  
7 somewhere in the middle of the data. And you saw a  
8 big drop and I have a table in my first paper that  
9 gives you the drop in FHP counts when the conversion  
10 factors were changed.

11 Well, what that was saying was that all  
12 along over time this error due to incorrect conversion  
13 factors was kind of getting bigger and bigger and  
14 bigger and so a way to dampen that down is just do the  
15 sampling and update the conversion factors more often.

16 My impression is that's not a real  
17 complicated thing. You're doing a sample, you're  
18 taking a sample of mail, right? And you're saying how  
19 does weight convert into pieces. It's something we  
20 ought to be able to do more frequently than once every  
21 X years.

22 MR. BOZZO: Well, I haven't done it. It's a  
23 surprisingly large problem because you have to -- of  
24 course, you have to measure mail of all the source  
25 types on a relatively systematic basis, but I don't

1 disagree with that, but the economists don't always  
2 get to choose their data.

3 MR. PEERSALL: This is about the estimator  
4 you used. If I remember correctly from your paper,  
5 you essentially used a method that's equivalent to  
6 two-stage least squares. Is that right?

7 MR. ROBERTS: Yes. Two-stage least squares  
8 is an instrumental variables estimator. Yes.

9 MR. PEERSALL: That's right.

10 MR. ROBERTS: It carries a little more  
11 baggage with it.

12 MR. PEERSALL: The Postal Service's work  
13 used another similar estimator, K-class estimator, the  
14 limited information maximum likelihood estimator, and  
15 they are slightly different estimators and I'm sure  
16 you could have used the limited information if you'd  
17 wanted to.

18 Would you sort of explain the difference and  
19 defend the choice that you made?

20 MR. ROBERTS: Well, any time you use a  
21 maximum likelihood estimator you're making an  
22 assumption about the distribution of the error term as  
23 well, that it's normal or whatever. You don't have to  
24 do that with an IV estimator. So I think there's a  
25 little bit less in the form of assumptions.

1           I was trying to use the IV estimator just  
2 because I think it's just real clean in the following  
3 sense. I've got one endogenous variable, I've got one  
4 instrument, and so you kind of know what variation in  
5 the data you're exploiting. I know that I'm  
6 regressing that endogenous variable on that instrument  
7 and I'm taking the fitted value of that as my cleaned  
8 up variable. And so I can look at that and I can kind  
9 of dissect it and convince myself that there's a  
10 strong correlation between the endogenous variable and  
11 the instrument and I can look at the fitted values,  
12 I can say here's how the variable changes as a result  
13 of doing this process, here's how it changes when I  
14 put it back into the model.

15           So in that sense, the steps are more  
16 transparent to me and I can kind of check them as I go  
17 along and say this is reasonable, I can see why this  
18 is happening.

19           Now, that's not the only way to do it, but  
20 what I was trying to illustrate in the first paper was  
21 kind of the importance of the problem. I was trying  
22 to say the measurement error problem is an issue here,  
23 I realize it, here's a way of dealing with it that I  
24 think is trackable, with the MODS data it's trackable,  
25 and I was trying to illustrate in the simplest

1 possible way so that it wasn't getting muddied up by a  
2 lot of other assumptions and that's kind of the  
3 approach I would take. I still take.

4           When I view a data set like this, I always  
5 try and use simple models and simple techniques where  
6 possible because I can kind of follow what's  
7 happening.

8           I'm not opposed to maximum likelihood. I  
9 just thought in this case this was kind of a simpler  
10 way to go. Cleaner.

11           MR. SMITH: Hi. I'm Marc Smith with the  
12 Postal Service. Just a couple of comments and a  
13 question.

14           I think you make a lot of good points in  
15 your work and you also point out that there's a whole  
16 lot of nitty gritty out there that's hard to capture.  
17 I'll point out one area that you may want to  
18 consider, which again is -- I don't know how feasible  
19 it is to do, but remote bar coding has been now used  
20 for letters and now for flats in recent years and so  
21 some of the labor used in processing letters and flats  
22 is essentially off site and not only that but there's  
23 been a technological advance in terms of being able to  
24 use computers and so over the years computers have  
25 been able to resolve more images and there's been less

1 need for keyers off site, so you've got both things  
2 going on and it's -- I'll just say that both of those  
3 would present challenges to you.

4           The other thing I just want to mention is  
5 that there is a -- how would standardization efforts  
6 relate to this? I mean, the Postal Service tries to  
7 standardize its work so as to be able to understand  
8 the plants to be able to work with each other. I  
9 mean, each plant is constrained in a lot of ways. It  
10 really can't go on its own. Each plant works with a  
11 set of equipment and they're prescribed to operate  
12 them certain ways and so they're not so much like  
13 separate actors trying to maximize without  
14 constraints. I guess I just want to raise that as an  
15 issue, that the plants are being asked to operate in a  
16 certain way that it might be that real wages perhaps  
17 differ place to place but I'm just suggesting that  
18 there's this other issue that could be important.

19           MR. ROBERTS: Let me respond to a couple of  
20 those points, Marc. Thank you.

21           One was the remote bar coding. I saw that  
22 last time, it was there, that's not one of the  
23 operations that we've included. I think it should be.  
24 I think the remote site labor is a labor input that's  
25 used in the sorting operations and I think it should

1 be included as another labor input. I don't have any  
2 problem with doing that. I don't have the data to do  
3 it. Rethinking how would you include that in the  
4 model in a practical way, I haven't thought enough  
5 about it, but I think it could be done. I think  
6 conceptually I'd like to count that labor. Yes

7           The second one, your comment about the  
8 improvement in picking up the images, absolutely.  
9 It's really quality improvement in capital, it's  
10 probably quality improvement in software but we kind  
11 of treat software as capital that depreciates kind of  
12 fast, I guess. Ideally, we'd like to measure  
13 expenditures on capital equipment and software to  
14 operate it as part of the capital account.

15           Now, whether we pick up quality change in  
16 software, that's an age old question that a lot of  
17 people have dealt with. The answer is we don't do  
18 that very well in most cases. Maybe here you could,  
19 maybe because the software is specialized enough that  
20 in measuring capital you could try and control for  
21 quality improvements in software as well. But the way  
22 I would bring that into the production model that we  
23 use here is through the capital stock variables,  
24 through measurement of capital in the plant.

25           MR. BOZZO: It can be done. There's new

1 complications related to the use of remote encoding  
2 for non-letter pieces. In R97, Mike Bradley's  
3 testimony presented results that were based on  
4 regressions of remote encoding site work hours, the  
5 number of images that the remote encoding sites  
6 represented which are collected out of the computers  
7 that attempt to read the mail and send them on. So  
8 those data do exist and the analysis at the time  
9 showed there to be roughly 100 percent variability of  
10 remote encoding work hours to the number of images  
11 that were processed, but that analysis hasn't been  
12 updated in a while.

13 MR. WALSH: Barry Walsh. Just sort of a  
14 general question.

15 I understand that these estimates that you  
16 do of elasticity are estimates over time and that  
17 they're an average elasticity over four or five years,  
18 something like that. And yet the Postal Service is  
19 anything but stable in a period of four or five years.  
20 We have new equipment coming in, equipment going out.  
21 You noted that the MPBCS numbers were kind of flakey.  
22 Well, you know, that's a piece of equipment that's  
23 going out. Any time we have equipment going in and  
24 out, the numbers are flakey on it.

25 We also have major changes in the Postal

1 Service. You mentioned the AFSM coming in, that's  
2 made a big change in a lot of things. In the flats  
3 area, we have the new FSS machines introducing  
4 delivery point sequencing into plants, which will be  
5 another big change. Then we have operational changes  
6 in which they make policy changes. For example,  
7 I mentioned earlier about taking all the letter cases  
8 off the floor, getting them down to a minimum. That  
9 was partly to make room, but it was also partly as a  
10 management device to make sure that they got rid of  
11 the people that might otherwise be staffing those  
12 cases.

13           So given all of these changes that you have  
14 in a period of four or five years, I'm wondering how  
15 well your numbers hold up, how well you can estimate  
16 an elasticity given that the underlying technology is  
17 so non-constant and also how relevant that is to the  
18 problem of estimating an elasticity -- I guess we're  
19 trying to estimate it in the test year and yet you're  
20 giving an estimate back all these years over a period  
21 of substantial change.

22           MR. ROBERTS: That's a good point. The  
23 general way you approach it is by trying to control  
24 for these others things that are going on the plant  
25 through a mix of observable variables. Very important

1 ones in the work I do are all these capital variables.  
2 Those are things that are really changing in very  
3 systematic ways over time for a plant and controlling  
4 for those things is real important as a result.

5 I included these technology variables and  
6 year dummies and things like this to try and allow for  
7 these changes that are going on.

8 Now, does it pick them all up? No. Of  
9 course not. But the next thing you can do, and I've  
10 actually done some of this although I didn't report it  
11 in the paper because it was just getting overwhelming  
12 as it is, one of the ways I've tried to check for  
13 sensitivity of my results is to take the simple model  
14 that I've got which treats these elasticities as  
15 constants and start to introduce a small set of  
16 interaction terms, so to start to let the elasticities  
17 vary with observable things in the data. This is  
18 certainly the approach Tom has taken, much more in  
19 that direction than I've gone or that I'm really  
20 willing to go. But one of the things I did was I  
21 started to just look at the interaction between the  
22 output elasticities and the year dummies, so just a  
23 simple idea that maybe this output elasticity is  
24 changing systematically year to year as the  
25 configuration in the plant is changing.

1           You do find some changes in those  
2 elasticities. They weren't huge, so I didn't report  
3 them because they weren't things that were jumping out  
4 at me as really making much difference. But if I  
5 reported an elasticity of .85, maybe what you would  
6 observe -- if you estimated that separately for each  
7 year by including year interactions, you might see it  
8 go from .8 to .87 or something, move over time.

9           So, yes, there is some movement in these  
10 things. I think that's something that should be  
11 checked before the results are used in setting rates.  
12 It's just a way of saying is the model robust in  
13 these kind of small changes and specifications.

14           That said, I'm all in favor of kind of  
15 limited interaction terms that can test sensitivity of  
16 results. I think that's just a good way to check your  
17 results. I don't want to go the route of putting in  
18 lots and lots of interaction terms because I think  
19 there's a tradeoff in the other direction, that you  
20 just end up with lots of insignificant coefficients.  
21 With coefficients that can change a lot with small  
22 changes in sample, things are insignificant, and then  
23 you aggregate them all up, you get this point estimate  
24 for an elasticity and it's hard to know where that's  
25 coming from. And so I'm leery of going too far in the

1 direction of interaction terms, but I think it is good  
2 practice to check sensitivity of the results to an  
3 assumption like the coefficient is fixed over the  
4 whole time period. So I'm certainly willing to do  
5 some of that and I've done some of it. Mostly, it's  
6 just a matter of time, how much you can do, how much  
7 of it I can do, so I do the things -- I kind of  
8 prioritize, here's what I think the most important  
9 specification issue and I go down the list and run out  
10 of time and there's things still on the list, but we  
11 all face that.

12 MS. DREIFUSS: Questions seem to be slowing  
13 down. We were scheduled to go to two and, of course,  
14 we're willing to take questions until two.

15 Does anyone have any more questions at this  
16 point?

17 I do see one.

18 Would you identify yourself?

19 MR. MONCH: My name is Nalan Monch. I'm  
20 with the commission's advisory staff.

21 You just answered a question about how do  
22 you deal with changes over time, different  
23 characteristics among plants you deal with fixed  
24 effect variable, and one of the things that we've been  
25 seeing from other cases filed recently is how much the

1 Postal Service has tried to reorganize its network  
2 starting now, I guess, in 2001 it had an area mail  
3 processing initiative where they tried to consolidate  
4 the functions at certain plants, taking away, for  
5 example, outgoing sorts from smaller plants,  
6 consolidating at larger plants. Now, they're trying  
7 to reconfigure the network to apparently more closely  
8 resemble a hub and spoke configuration than what they  
9 have now. Apparently, these are quite extensive  
10 reconfigurations that they have been doing and  
11 contemplate doing.

12 My question is does that make the particular  
13 role that a particular plant plays in the network so  
14 volatile that a fixed effect approach may not be  
15 valid?

16 MR. ROBERTS: A fixed effect is correcting  
17 for a number of things in the model. Let me back up  
18 and explain. Here's what I view the fixed effects as  
19 doing, okay? In these models. Because I use them as  
20 does the Postal Service, so I think they're  
21 appropriate to use and here's the reason, is that  
22 there are certain things about plants that make them  
23 different, that one plant, even if we took all the  
24 observable characteristics that we could, the capital  
25 stocks in particular, and we took the exact same

1 capital stocks from one plant and we stuffed them into  
2 another plant, would that second plant replicate what  
3 goes on in the first one?

4 I think the answer is probably no, it  
5 wouldn't, that there are going to be unique things  
6 about that second plant that make it different from  
7 the first one, even when we control as much as  
8 possible for the observable things that are different.

9 Another way of asking the question, sort of  
10 looking at the question, would be suppose we had a  
11 small plant and we had a large plant. Do we want to  
12 use the size difference in these two plants to  
13 estimate our output elasticity? Do we really want to  
14 use the fact that one plant is small, has small FHP,  
15 small hours, another plant is large, and look at the  
16 difference between those two and say, oh, yes, that's  
17 telling us about the output elasticity that we want to  
18 measure?

19 Effectively what we're saying is if that  
20 little plant grew up, it would look like the big plant  
21 and I think that's probably not true in most case,  
22 that when you take the small plant and you try to make  
23 it handle the mail volumes and do things the way the  
24 large plant did, it's still going to come out with a  
25 different mix of hours and FHP. And so the idea is

1 that the cross plant differences are not really  
2 picking up the right kind of variation in the data.

3           They're picking up variation that is  
4 reflecting things that are permanent differences  
5 across plants. Someone mentioned earlier in the day  
6 whether they're two-story or one-story plants. That's  
7 the sort of thing a fixed effect would control for  
8 nicely.

9           So what we're saying is we don't want to use  
10 that variation in the data to estimate the output  
11 elasticity. It's not the right kind of experiment in  
12 the data to estimate the output elasticity.

13           What we really want to estimate the output  
14 elasticity is if the plant got more FHP coming into  
15 it, more volume, what's the range of responses that  
16 that plant could make in terms of its use of hours?

17           So I think it's much more the time series  
18 variation in the data that we want to use for  
19 estimating the output elasticity than it is the cross  
20 plant differences.

21           Now, that said, both sources of variation,  
22 time variation and cross plant variation, have got  
23 useful information in them and they have some less  
24 than useful information in them and it's a matter of  
25 degree how much of one we're throwing away when we get

1 rid of the other.

2           I think a reasonable compromise is to  
3 include the fixed effects because they deal with  
4 things that are likely to be non-reproducible or  
5 non-replicable differences across plants. So that  
6 would be my argument for using them.

7           Now, I don't know if I answered your  
8 question or not.

9           MR. MONCH: I guess the thing I was focusing  
10 on is if the essential differences between plants  
11 don't seem actually to be fixed, then I guess what  
12 your response was that you sort of have an intuitive  
13 belief that the essential differences somehow are  
14 fixed even if you're doing radical reconfiguring.

15           MR. ROBERTS: Well, to the extent you're  
16 doing radical reconfiguring, too, it should show up in  
17 the time varying data and that's really what we're  
18 relying on to estimate these output elasticities.  
19 Think of the variation in the data, some of it's  
20 systematic and permanent across plants and some of it  
21 is time varying for both plants. If the system is  
22 under reconfiguration and volumes are being shifted  
23 from one plant to another over time, that kind of  
24 stuff is picked up in the time dimension of the data  
25 and that's what we are using to estimate the output

1 elasticities.

2           So it's really a matter of -- I guess it's a  
3 broader issue that I've wrestled with in using this  
4 data and it comes out when I talk about quarterly  
5 variation in this paper as what's the right experiment  
6 in the data, what's the right source of variation to  
7 use in estimating the output elasticity that we're  
8 after?

9           Ideally, the experiment we would like to do  
10 is take a plant and control the amount of mail that's  
11 going into the plant over time. So one day we get a  
12 million pieces, the next day we give it two, we give  
13 it three and we watch how the plant responds in terms  
14 of its hours used. If we could run a controlled  
15 experiment to measure the output elasticity, I think  
16 that's what we would do. We would just vary the  
17 volumes going into the plant and watch how the plant  
18 responds with hours.

19           So what we want when we approach a data set  
20 like the MODS data set, I approach it saying where is  
21 that kind of variation showing up in the data? Is it  
22 showing up in differences between a small plant and a  
23 large plant? No, I don't think so. I don't think  
24 that's the kind of data variation in want to use.

25           Is it showing up in the time series

1 variation for an individual plant? Yes, I think it is  
2 because now what we're seeing is, yes, a plant is in  
3 operation in a low quarter and then it moves to a busy  
4 quarter and volumes increase by 25 percent but that's  
5 reality, the plant is getting 25 percent more volume  
6 and it's dealing with it. So I look at the data, the  
7 quarterly variation, I say that's a good source of  
8 variation to use because that really is approximating  
9 the kind of experiment that we'd like to run for  
10 measuring the output elasticity, whereas I don't think  
11 the cross plant differences is the right kind of  
12 experiment.

13 I realize this maybe is getting a little too  
14 philosophical here, but it's just saying we want to  
15 think about where is the variation in the data and how  
16 well does it approximate the experiment we would run  
17 to estimate this parameter if we could run that  
18 experiment.

19 Unfortunately, as economists, we can't run  
20 the experiment, so we've got to kind of figure out  
21 where that experiment exists in our data.

22 I turned into professor mode there. Sorry.

23 MR. MONCH: I have another question if no  
24 one else has one.

25 MS. DREIFUSS: Could you identify yourself,

1 please?

2 MS. MONACO: Joanne Monaco and I'm with the  
3 PRC.

4 You know, if I remember right, looking at  
5 your results, the Houseman statistic was less than the  
6 critical value which would indicate that a random  
7 effect model would be just as applicable and I was  
8 just wondering if you tried to use that and then just  
9 compare the two.

10 MR. ROBERTS: No, the P values always -- we  
11 always reject.

12 MS. MONACO: So the null for no correlation  
13 between --

14 MR. ROBERTS: Yes. We're rejecting that.

15 MS. MONACO: You're rejecting that? Okay.

16 MR. ROBERTS: We would reject the random  
17 effect.

18 MS. MONACO: All right. And then the  
19 fixed -- okay. The fixed effect is the one to use.  
20 All right. Thanks.

21 MR. MONCH: Nalan Monch again with the  
22 advisory staff of the commission.

23 I believe I recall somewhere in your paper,  
24 not exactly where, you said it would be a legitimate  
25 thing to aggregate up the models of the various --

1 your shape based models by plant could be aggregated  
2 across plants in a meaningful way.

3 MR. ROBERTS: No, I hope I didn't say that.  
4 I don't think I said that. The kind of aggregation I  
5 was talking about was really two. One is across  
6 processing stages for a given kind of output, so we  
7 think of what I called FHP in the outgoing sort,  
8 that's a kind of output. So we can aggregate up  
9 across OCR, DBCS, manual operations and get an overall  
10 elasticity for letter sorting, so how would total  
11 labor use in letter sorting respond to an increase in  
12 FHP out? So that's one kind of aggregation that's  
13 building up to the level of letters.

14 The second kind of aggregation is across the  
15 outputs and across the outputs it's a different kind  
16 of question that you're asking. Now you're asking the  
17 question suppose that the total volume of mail  
18 increased in the plant. That means FHP out goes up by  
19 1 percent and FHP in goes up by 1 percent. So all the  
20 outputs are rising, the whole vector of outputs is  
21 rising. Then, what would be total labor response?  
22 And that you can get as well. That's a different way  
23 of aggregating the elasticities.

24 Each of those things asks slightly different  
25 question but each of them are just recombinations of

1 the basic building blocks, the elasticity of each  
2 operation with respect to each output, which is what's  
3 being estimated. And I think those other things are  
4 actually -- I find them a little bit easier to think  
5 about than I do the ones for the individual sorting  
6 operations.

7 MR. MONCH: Let me ask a related question.  
8 You criticized the use of TPH as a poor proxy for the  
9 volume at the plant because it's not really  
10 proportional to the volume that's coming into the  
11 plant and that's what you really have as your goal, is  
12 to measure that.

13 Given that the objective of rate making is  
14 to apply a rate to the pieces that actually are  
15 entered into the system rather than just entered into  
16 a particular plant, is there a problem in that you  
17 have pieces that are handled by more than one plant,  
18 they can show up at one plant as an outgoing and  
19 another plant as an incoming and therefore some pieces  
20 get touched by one plant, some might get touched by  
21 three, and therefore you have a disconnect between the  
22 volume going into the system and the volume that  
23 you're actually modeling?

24 MR. ROBERTS: Yes, that's a great point.  
25 What's relevant for the cost in the plant, the labor

1 hours in the plant, is the volume that's being treated  
2 in the plant and so that's the way I'm viewing it.

3           Now, what's interesting is if we followed a  
4 letter through the system we could think of a letter  
5 as basically collecting costs at different stages in  
6 its lifetime, so it enters one plant and it gets an  
7 outgoing sort and it collects some cost from that.  
8 Then it goes to another plant and gets an incoming  
9 sort and it collects a different cost at that plant.

10           What we're estimating here, the way I  
11 approached it was I'm estimating essentially the  
12 marginal cost of an outgoing sort and the marginal  
13 cost of an incoming sort.

14           Now, that doesn't mean one by itself is the  
15 marginal cost of the letter. A letter is now the sum  
16 of those two things. It's an outgoing sort plus an  
17 incoming sort, so you could take margin cost and you  
18 would start to -- we're going to estimate marginal  
19 cost for different types of sorting, but then you  
20 could map those into which of these stages of sorting  
21 does a letter go through and if it's just as simple as  
22 it goes through one outgoing and one incoming, boom,  
23 then you add those up and you've got the marginal cost  
24 of a letter through that type of sorting.

25           I think this is actually -- I didn't have a

1 chance to talk about it today, but I think it's one of  
2 the advantages of this kind of disaggregation of  
3 output that I did in this case and if it could go  
4 further I think it would be nicer, but it also gives  
5 you a basis, then, for thinking about cost discounts  
6 for letters that only go through part of the sorting  
7 process, so something comes in presorted and bar coded  
8 by a mailer and completely skips the outgoing sort,  
9 then I've estimated the marginal cost of the outgoing  
10 piece of the pie, that isn't relevant for this letter,  
11 but the incoming sort costs still are. So if you  
12 could measure the marginal costs of those different  
13 pieces, you could add them up in different ways to get  
14 a cost of a presorted letter versus the cost of an  
15 unsorted letter.

16           I don't know if I'm making myself clear.  
17 It's something I've been thinking about. It's not  
18 made it yet into writing, so maybe my explanation is  
19 not as clear as I would like it, but I think the  
20 processing -- the way I'm thinking of the processing  
21 plant as handling different categories of mail,  
22 ultimately we're going to estimate a marginal cost of  
23 handling each of those categories. We can then  
24 describe different types of letters as different mixes  
25 of tease categories and so that will give us a way to

1 estimate marginal costs for different rate classes,  
2 different types of mail, discounts for presorting,  
3 this kind of stuff.

4           So that's where I think this could go. I've  
5 not worked out the details and I'm sure there are  
6 many, but thinking down the road.

7           MR. FINFIELD: Larry Finfield from the PRC.  
8 Just really an information question. I agree that the  
9 marginal cost information is key here, especially in a  
10 multi-out function where the elasticity is, as you  
11 talk about it, to a great extent a function of a share  
12 of that output and so margin costs, marginal time, is  
13 an important thing to look at and you have a way of  
14 doing that but did you sort of just in your back room  
15 ever do any calculations that just sort of --

16           MR. ROBERTS: Of marginal costs?

17           MR. FINFIELD: Yes. Marginal costs for  
18 these different operations in the incoming and  
19 outgoing.

20           MR. ROBERTS: I was just pressed for time to  
21 get it done and I didn't do enough. I did some back  
22 of the envelope calculations, but the thing I was  
23 running into was that the cost pools that I was  
24 working with are such a small share of cost that I was  
25 leery that --

1           MR. FINFIELD: For the incoming or the  
2 outgoing that you're talking about?

3           MR. ROBERTS: The formula that's in the  
4 paper is marginal cost is equal to average cost times  
5 these elasticities. And when I looked at average  
6 cost, it seemed like when I aggregated across by  
7 plants I had such a small share of processing costs  
8 that was actually in my data, that was actually in my  
9 pool, that I felt like I was missing something and so  
10 I wasn't willing to write them down, here's what they  
11 are. But there are costs -- you can measure these  
12 cost differences across different categories of  
13 output, but I'd have to do a lot more work to  
14 understand the size of the cost pools and the things  
15 that I'm missing when I use these cost pools, what  
16 other costs am I missing, because that seems to be the  
17 lion's share. I was uneasy about saying marginal cost  
18 is 2.16 cents or something.

19           MR. FINFIELD: Okay.

20           MR. ROBERTS: I didn't even write the number  
21 down, but it can be done. It can be done.

22           MR. MONCH: Nalan Monch again with the  
23 commission's advisory staff.

24           In your morning lecture, the point was made  
25 that the manager's discretion to direct mail from an

1 automated operation to a manual one was heavily  
2 constrained by a policy of removing the gauges that  
3 are used to do manual sorts and it seems that the  
4 manual sorting operation has been one of your biggest  
5 problems in modeling, particularly for flats.

6 I'm wondering if it would be possible to  
7 treat the manual sorting cage as though it were a  
8 technology and you could track the number of cages and  
9 the trend, what's happened to those, and perhaps add  
10 that to your model and improve the modeling of the  
11 manual operations as a result.

12 And even more than that, it seems from  
13 talking to managers over the years at plants that I've  
14 visited something that's mentioned quite often is  
15 space constraints and a lot of the different  
16 productivity numbers that you would compare from one  
17 plant to another seem to be related to whether they  
18 are mildly or severely constrained in terms of space.

19 I'm not very familiar with how you've handled that  
20 with your capital variable, but I'm wondering if there  
21 isn't a more effective way to measure or compare  
22 plants in terms of how constrained they are in terms  
23 simply of square feet to do what they try to do.

24 MR. ROBERTS: Several points. Let me give a  
25 quick answer to a couple of them before I forget what

1 they were.

2           The one about modeling manual operations.  
3 Basically, manual letters has always, I think, been a  
4 very robust set of estimates. I haven't seen a lot of  
5 sensitivity there or as much sensitivity there as I do  
6 in the automated operations, particularly the ones  
7 that are being phased in and phased out. That's where  
8 a lot of the sensitivity comes from.

9           What I do see in manual flats now that I did  
10 not see using the data from '94 to 2000 is I see less  
11 output. The output variability of manual flats is  
12 much smaller than it was in the estimates from the  
13 earlier time period and I've kind of wrestled with  
14 why, you know, what's the source of that.

15           The one thing I can point to, and this is  
16 only part of an answer, is that a lot of the cyclical  
17 sensitivity, the fluctuations in FHP over time, and  
18 they are substantial from season to season, a lot of  
19 that seems to have been transferred from manual hours  
20 to AFSM hours and so when I look at this time period  
21 now, when I go back and look in the '90s data, I see  
22 that manual flats are pretty sensitive to the  
23 fluctuations in FHP and it looks like as FHP goes up  
24 and down quarter to quarter the manual hours are going  
25 up and down quarter to quarter.

1           Now, when I look at the operations in 2002,  
2 2003, 2004, where I see that cyclical sensitivity is  
3 in the AFSM operation and I see -- just kind of  
4 eyeballing it, I see the manual hours appear to be  
5 much less cyclically sensitive than they used to be  
6 and so that's the mechanical reason that I'm getting  
7 lower elasticities now.

8           Now, what's that reflecting in the  
9 underlying process? Is it reflecting a shift from  
10 manual sorting in flats to AFSM sorting as the primary  
11 means of responding to volume changes? You could tell  
12 me better than I can tell you. That's what I  
13 speculate that it is.

14           So I think that there are things going on in  
15 the data, particularly in the flat sorting, which are  
16 responsible for these different sensitivities,  
17 different elasticities now than what I saw earlier,  
18 but I would want to explore that more before I  
19 committed to an answer.

20           Okay. So I got two of your questions. I  
21 forgot what the last one was.

22           MR. MONCH: It was about modeling technique,  
23 for example, whether you might fashion a variable that  
24 said, well, the technique is a piece of equipment and  
25 if you track what's going on with the availability of

1 that equipment, you might find that your model  
2 improves for manual.

3 MR. ROBERTS: True. I think the way I would  
4 approach that is say there's yet one more kind of  
5 capital that I want to keep track of that I haven't  
6 and that's capital in manual sorting operations and  
7 maybe the right measure to use is the number of cases  
8 or something like that.

9 Yes, in general, I'm all in favor of kind of  
10 disaggregating capital and including as many different  
11 types as possible, realizing there is a cost. The  
12 cost is always going to be that you're trying to ask  
13 more and more things of the same set of data and  
14 probably what's going to happen is that the precision  
15 of the estimates will start to fall off. You're going  
16 to see bigger standard errors. Maybe, maybe not.  
17 It's an empirical question, but I think the general  
18 approach of trying to control for the kind of capital  
19 that's in place in the plant is important and I agree  
20 with that and I think the more that can be done to  
21 push on that dimension the better.

22 MR. MONCH: I also had the question of  
23 whether your model captures constraints-based.

24 MR. ROBERTS: Oh, yes. No. There's really  
25 nothing there that would do that. If the total space

1 of the plant is fixed over time, if the total square  
2 footage of the plant is fixed, that would be taken out  
3 with the fixed effect. That's one thing that would be  
4 removed. So it would be saying, yes, I'm not using  
5 the difference between a small plant and a big plant  
6 in terms of square footage, now, I'm not using that  
7 difference to estimate the elasticity because of the  
8 way I've controlled for the plant effects.

9           Maybe the more relevant way to think about  
10 it is it's really something that varies over time.  
11 Some periods, it's a constraint. Some periods, it's  
12 not a constraint. The way to handle that, to try and  
13 deal with that in these kind of models, is to come up  
14 with a variable to measure. You measure what's the  
15 constraint that the manager faces. And really, you  
16 know, the way we're thinking of all these variables on  
17 the right-hand side, the way I think of them, is that  
18 they're all constraints that the manager faces.

19           The manager is trying to choose hours, and I  
20 think machine time, to sort mail and they face  
21 constraints on the quantity of capital that they've  
22 got to work with and they face constraints on the  
23 amount of letters that are coming in that they have to  
24 deal with and I don't have any problem adding in  
25 additional things that reflect constraints on the

1 managers.

2           Now, the ability to measure these effects,  
3 though, on quarterly data might be pretty meager. If  
4 it's something -- suppose the plant is really  
5 constrained, the cases are full five days in a quarter  
6 and so the manager does something different on those  
7 five days because of this constraint that he faces.  
8 Is that going to show up in quarterly data? Are we  
9 really going to be able to pick that out? Probably  
10 not. Probably not.

11           Even if we came up with a nice variable that  
12 measured, gee, for five days of the quarter they were  
13 constrained and we put that in, would that show much  
14 action? I'm skeptical.

15           I think the quarterly aggregation just sort  
16 of washes out a lot of these day-to-day effects. And  
17 they're things that -- I'm not denying they're  
18 important. I bet on a day-to-day basis the managers  
19 do have to respond to these kind of constraints and  
20 things that pop up and they have to do things  
21 differently. So I'm sure they're there. It's whether  
22 or not we can measure them in quarterly aggregate data  
23 and I'm skeptical of that.

24           MR. MONCH: I'm reluctant to waste the time.  
25 I'll just keep asking unless somebody else has a

1 question.

2           Sometimes managers don't just have a space  
3 constraint for a few days, a few peak days of a  
4 quarter, but sometimes they simply can't install a  
5 machine that they wanted for years in a row because  
6 they don't have square feet to put the machine there  
7 and so I'm thinking that the space constraint issue is  
8 not something that's simply short-run.

9           MR. ROBERTS: There, the way we would  
10 approach it is we would see a different configuration  
11 of capital in this plant than we otherwise would see  
12 if they had more space. We would see an extra AFSM  
13 machine that we're not going to see because they don't  
14 have the space for it. So we're going to see  
15 different capital. We can measure that. We're going  
16 to see different hours in the sorting operations. We  
17 can measure that. So were going to be able to observe  
18 in the quarterly data that this plant does look  
19 different than an otherwise identical plant, a plant  
20 with the same volume of mail but more square footage  
21 and therefore another piece of capital equipment.

22           I think those are the kind of differences we  
23 can get a handle on using the MODS data, using the  
24 quarter data, because they're going to show up in the  
25 amount of capital that's there and the hours and the

1 different sorting operations. The things I think that  
2 are harder to pick up are these day-to-day shocks that  
3 might be a big problem at some times of the year or in  
4 some plants or not in others. That ability to  
5 distinguish those things in the data I think is going  
6 to be very hard because we don't have a nice variable  
7 to measure, we don't have something that really varies  
8 across plants and across time that's picking up that  
9 constraint.

10 MR. MONCH: I see another question.

11 MR. MITCHELL: Bob Mitchell. Actually, I'd  
12 rather listen to Nalan go on for the rest of the  
13 afternoon, but I'm going to follow up on his comment  
14 that the plant might not have space for additional  
15 machinery.

16 When we think about volume increases, we  
17 often talk about, gee, whiz, what if there's a 10  
18 percent volume increase? I realize this is larger  
19 than a first derivative, but at some point we have to  
20 talk about a volume increase that's large enough for  
21 us to begin to see the actual behavioral  
22 characteristics of the plant, so I'll talk about 10  
23 percent.

24 When we've done transportation analysis over  
25 the years, we've often said, well, what's going to

1 happen to your trucking costs if volume goes up 10  
2 percent and the answer traditionally is, well, on  
3 average, our trucks are only 68 percent full, so if we  
4 have a 10 percent volume increase, why, most of it we  
5 can handle in the existing trucks. But then someone  
6 says, you know, some of the trucks are going to get  
7 too full and we're going to have to get a whole other  
8 truck. So all of a sudden we've got a very low  
9 marginal cost in a whole lot of areas, but we've got a  
10 very, very big marginal cost in a couple of areas  
11 because we had to buy a whole new truck. And so what  
12 we wind up doing is kind of averaging this thing out  
13 and assuming that we get more trucks and we don't  
14 handle this thing as a short-run change in how full  
15 the truck is.

16           Well, if we apply this to mail processing  
17 nationwide and I say, well, I've got 500 machines  
18 nationwide that I'm using, what's going to happen if I  
19 get a 10 percent volume increase?

20           Well, with a 10 percent volume increase, we  
21 might get 50 more machines. Basically, we're going to  
22 have to do that to handle our volume.

23           Where are the 50 machines going to occur?  
24 I'm not sure. We build two or three plants a year, we  
25 put in some annexes, once we put in a new plant, we

1 rearrange the volume a little bit, so even though the  
2 new plant is over here, we shift volume out of a  
3 couple of these plants and we shift volume into the  
4 new plant and we essentially accommodate the volume  
5 increase and we essentially achieve getting our new  
6 equipment.

7           Well, you know, with a 10 percent volume  
8 increase we've got 500 machines and a 10 percent  
9 volume increase and we buy 50 more machines somewhere,  
10 don't all of the set up costs then become variable?  
11 We talk about scheme changes and we talk about set up  
12 costs for the machine and so forth. If we start buying  
13 new machines, then all the set up costs become  
14 variable too and our explanation for why we have  
15 variabilities of less than 100 percent tend to  
16 disappear.

17           I guess I'm wondering that if you do  
18 anything approaching a longer term or a full  
19 adjustment to some of these volume changes, if the  
20 fixed scheme changes also become variable.

21           If this doesn't make any sense, I realize  
22 it's a little different from the average question  
23 here.

24           MR. HUME: This is Peter Hume again. This  
25 is exactly the question that I felt like asking, too,

1 but this has come around as far as I know -- Harold  
2 Orenstein, who God preserve, I think brought that one  
3 up and we used to argue about it and he said  
4 everything is 100 percent variable just because you  
5 have more of the same.

6 I think there's a further way of looking at  
7 this and that is that the marginal costs enable you to  
8 allocate costs among the different classes of mail,  
9 which is a different question really, and I don't  
10 quite know when you say, well, we've got to add 50  
11 other machines because of the 10 percent volume  
12 increase whether that gives you a different insight  
13 for allocating the cost differentially to the  
14 different operations and, in particular, to the  
15 different classes, which is really the problem we're  
16 facing. We're not trying to deal with the total cost  
17 to the Postal Service, we're trying to do a decent  
18 allocation that doesn't annoy people.

19 MR. BOZZO: This is Tom Bozzo again. In  
20 part, my response would be if the existing 500  
21 machines aren't at full capacity, it would seem to be  
22 an assumption that may or may not prove true that a 10  
23 percent volume increase would necessarily lead to an  
24 increase of 50 machines, on the one hand. I suppose  
25 it's also not generally true that all machines -- in

1 the long run, all machines may be relocatable. In the  
2 time between the base year and the test year of a rate  
3 case, as a practical matter, most of them are not  
4 going to be relocated, so in principle you would  
5 expect that volume increases will cause some, probably  
6 not many machines, to reach capacity in any particular  
7 interval of time. Most will not.

8 I think as a practical matter when we deal  
9 with a postal system with relatively flat overall  
10 volume that is generally shifting to more work shared  
11 categories, I think contemplating large increase in  
12 piece processing volume is perhaps not the most  
13 important scenario that we would necessarily want to  
14 explore as far as the implications for cost changes.

15 MR. WALSH: I think I can answer your  
16 question more directly as a matter of simple  
17 arithmetic. Let's assume all those 500 machines were  
18 at full capacity and we have a 10 percent volume  
19 increase. Do we have to buy 10 percent more machines?  
20 No. And the reason not is simple arithmetic. The  
21 reason is because assume that 10 percent of the time  
22 on those machines was being used to change the  
23 schemes, change the sort plans, relabel the bins and  
24 start up a new sort plan.

25 That 10 percent hasn't changed, it's still

1 there, we still have all the same destinations, we  
2 still have to do all the same sort scheme changes. So  
3 you're actually doing that mail with 90 percent of the  
4 total time, 10 percent being on scheme changes. That  
5 means you have to buy 9 percent more machines, not 10  
6 percent more machines.

7 MR. THOMAS: Joel Thomas, National  
8 Association of Presort of Mailers. When talking about  
9 a machine being in capacity, maybe in one shift it is,  
10 but there are very few of these machines really  
11 running 24/360, are there?

12 MR. WALSH: That was just a hypothetical.  
13 This is Barry Walsh again. That's a valuable point.  
14 Because unfortunately the situation we have to deal  
15 with nowadays is not so much a 10 percent increase in  
16 volume as a prospect of a 10 percent decrease in  
17 volume.

18 Now, nobody has suggested that we scrap a  
19 bunch of DBCSs, so we don't really have this problem  
20 of buying more machines or less. We do have this fact  
21 of life that you have to change the sort schemes every  
22 time you get a new set of destinations to sort to and  
23 that constant percentage comes out of whatever change  
24 you have to make in your total run time.

25 MR. MITCHELL: Bob Mitchell again. I wonder

1 whether I can keep this all connected or not. One of  
2 the things we have to remember is the volume change  
3 and the marginal costs that are relevant to rate  
4 making is hypothetical. In other words, it says we  
5 are considering one rate instead of another rate in  
6 our rate setting process for this particular class of  
7 mail and we would like to set that rate in view of the  
8 effects of one rate versus another and one of the  
9 effects is a cost effect.

10           So we hypothetically say if the rate was set  
11 a little lower instead of a little higher and the  
12 volume turned out to be a little higher because of  
13 that, given the elasticity, how much would the costs  
14 go up?

15           As economists, we're also stuck with this  
16 kind of long-term problem that we've all been trained  
17 that the marginal costs for volume increase is exactly  
18 the same as marginal costs for volume decrease, that  
19 these are continuums and investment agreements a  
20 practical matter, if you're in a real organization and  
21 the volume goes up next year, you handle it one way  
22 and if the volume goes down, you handle it a different  
23 way. But we're not here trying to do a roll forward.  
24 In other words, a projection of what's going to happen  
25 in a test year is really a different question from the

1 hypothetical question of what if there were a certain  
2 kind of volume change. What if the volume change in  
3 the test year is a little different from what it was?

4           So I think we've got to make sure we keep  
5 this hypothetical and I think we've got to get away  
6 from the question of the roll forward and view that as  
7 something completely different.

8           I would like to be able to constrain  
9 ourselves so that the marginal costs of a volume  
10 increase is the same as the one for volume decrease.  
11 That's a bridge that I've never known quite how to get  
12 across, but under the assumption of the tight system,  
13 either way, I think it's a little more reasonable to  
14 have the same marginal costs for an increase as for a  
15 decrease.

16           MR. HUME: On that comment, I remember one  
17 of our brighter guys around, I believe it was Mike  
18 Nelson, used what he called the one piece decrement in  
19 mail volume delivery in order to estimate a marginal  
20 cost and the bright idea was that if you look at the  
21 number of single piece stops clearly if you remove one  
22 piece then you have removed all those stops, so that  
23 the variability of the number of stops depends  
24 essentially on the number of one-piece stops and that  
25 was the negative removing the volume concepts and I

1 believe that was quite seriously considered and it was  
2 a very good thought and I think you can apply the same  
3 thing, what would you remove?

4 I would like to endorse your point, that we  
5 are actually allocating costs among classes of mail  
6 and we all seem to agree that marginal costs is a good  
7 way to do it and the roll forward is a different  
8 question, as you've said. I would agree entirely.

9 MR. MITCHELL: And I was just thinking while  
10 we're all here having fun if we go back to some of the  
11 route analyses that Peter was an integral part of in  
12 the early 1970s, we always for costing purposes  
13 assumed a strict eight-hour day and we assumed that as  
14 soon as the volume increased it went over eight hours  
15 and we put on more routes and we used to keep the  
16 route time the same, the travel time used to go up.  
17 If we had a volume decrease in our route analysis, we  
18 assumed that the route time went below eight hours and  
19 all of a sudden we adjusted all the routes and we did  
20 an as-is analysis for volume decrease and we called  
21 that a long run adjustment process.

22 So I think in a trucking analysis and the  
23 route analysis, we have this obvious long run  
24 adjustment process and variability here. It's looking  
25 to me like it's getting shorter and shorter.

1 MS. DREIFUSS: I see it's 2:00. We've  
2 ventured onto a fairly wide ranging discussion which I  
3 think it's actually good to come together sometimes  
4 and share views like this.

5 Does anyone want to ask Mark any further  
6 questions about his analysis? I see one.

7 MR. ROBINSON: Dr. Roberts, I'm Charlie  
8 Robinson. I'm with the commission. I have a more  
9 mundane question to ask and it's about cost  
10 allocation.

11 I'm looking at Table 4 and I look at the  
12 four columns there in the first two lines and is  
13 authority to myself, okay, suppose I bought off on  
14 these output elasticities and I actually wanted to use  
15 them for a rate case.

16 I'm assuming that I would just sum the first  
17 two lines in the manual column and apply them to the  
18 manual MODS cost pool for letters and I would do the  
19 same thing with OCR and the mail processing bar code  
20 sorter. And then when I get to DBCS, because I have  
21 kind of an accounting mentality, I say to myself,  
22 uh-oh, it looks like the number there is 120 percent  
23 and I'm going to take 120 percent of the accrued cost  
24 for this cost pool and call that an attributable cost.

25 Well, my brain tilts on that one and it

1 causes me a problem, so I have two questions: what  
2 would your recommendation be to the commission for how  
3 to handle the situation where marginal cost exceeds  
4 average total cost and, number two, could you  
5 intuitively explain to me why you obtained this  
6 result? I don't understand why the marginal costs  
7 would be so much higher for a delivery bar code  
8 system.

9 Thank you.

10 MR. ROBERTS: I haven't fully worked this  
11 out in my own head, but I think the way I would start  
12 is I would not be doing the cost allocation by cost  
13 pools like has been done. I would be thinking about  
14 shapes of mail and I would be aggregating up to  
15 looking at the elasticity by shape of mail.

16 Let's suppose now we just have one output,  
17 that makes it a little bit easier. What I would want  
18 to get is the marginal cost, the marginal cost of a  
19 letter, and I would work at that level.

20 I'm not convinced myself and I'm a little  
21 bit on thin ice here, so I'm talking to the experts in  
22 the room and I've not thought as deeply about this,  
23 but I'm uneasy with the need to allocate these costs  
24 pool by pool and I think the way I've been thinking  
25 about it is allocating them letters, flats, it's

1 really by shape that that's where the processing costs  
2 are coming from.

3           These are pieces of the marginal cost of  
4 letters and we want to take the expenditure on letters  
5 and allocate that across classes, so your problem  
6 wouldn't come up probably.

7           MR. ROBINSON: Let me get a clarification.  
8 In a simplistic way, are you suggesting that I would  
9 just sum up the four cost pools for those letters  
10 right there and apply the 100 percent variability  
11 factor, do the same for flats and apply a 70 percent?

12           MR. ROBERTS: Using my estimates, yes.  
13 That's kind of the way I'm thinking about it. Yes.  
14 And not a separate allocation cost pool by cost pool.

15           MR. ROBINSON: Does this mean you're not  
16 going to attempt to answer why the marginal cost is so  
17 high for DBCS?

18           MR. ROBERTS: Yes. Let me make one point.  
19 The allocation by cost pool, that is partly tied up  
20 with the separability assumption that's made in the  
21 modeling. When you make the separability assumption,  
22 then the separate cost pools, process by process by  
23 process, make sense. You're dealing with these  
24 processes independently. When you give up the  
25 separability assumption, all these things become

1 substitutable inputs in processing letters and so you  
2 want to think about the marginal cost and the cost  
3 allocation for letters and you don't do it cost pool  
4 by cost pool.

5           Notice what that's also going to imply. I  
6 didn't talk at all about the distribution key  
7 methodology here, partly because I view that as a  
8 complication that I think is making it difficult to  
9 understand the real differences in the production  
10 model which are different between my approach and the  
11 U.S.P.S. approach, so that's why I abstracted from the  
12 distribution key to think of just one rate class of  
13 mail and then I think it makes the technology  
14 assumptions cleaner, that you can see the distinction  
15 between it.

16           But what it also says, you don't need a  
17 distribution key by process, you don't need to measure  
18 how much of the volume is in each rate class in OCR  
19 and in DBCS, you only need to do it for letters and so  
20 it simplifies, I think, the whole distribution key  
21 approach that you need.

22           So that view of thinking about separability,  
23 separate processes, distribution keys by process,  
24 that's all tied up with the assumption of separability  
25 in the production model, which I don't think it's as

1 bad as the proportionality assumption or as harmful as  
2 the proportionality assumption, but I still think it's  
3 harmful and unnecessary, so I would move away from  
4 that.

5 I realize there are probably a lot of  
6 details I'm missing. It's something I want to think  
7 about more.

8 MR. WALSH: Barry Walsh again. If you were  
9 to move to your approach, that still will leave the  
10 question, though, of how the commission would do its  
11 current work with things like the discount models that  
12 fuel the drop ship discounts and the like because they  
13 are dependent on having these cross pools, the  
14 marginal cost reach of the cost pools.

15 What would you propose as an alternative to  
16 that?

17 MR. ROBERTS: Like I said, I'm only  
18 beginning to even think about this problem and so I'm  
19 not aware of even what you're talking about, what  
20 these things are, so I'm just not qualified to speak  
21 on that yet.

22 MR. WALSH: Then a more general question.  
23 You've commented and others have commented that these  
24 numbers and these estimates can change rather  
25 dramatically depending on the time period that you

1 select for doing your analysis and in particular in  
2 flats or OCRs or something you'll get substantially  
3 different results for one set of years compared to  
4 another set of years.

5           Do you think that this is largely a matter  
6 of error in the estimate or it's a change in the  
7 actual real situation?

8           MR. ROBERTS: It's probably both. The way I  
9 try and look for stability, I can just try and  
10 describe what I look at that I find odd and what I  
11 look at and I find sensible is when I see estimates  
12 that vary a lot when I add one additional quarter or  
13 when I subtract ten plants from them, I say something  
14 is wrong, this doesn't make sense. Or if I was to  
15 estimate the model using 1999 to 2002 and then I add  
16 in 2003 and I see things change a lot, that kind of  
17 variability bothers me because I think that there's  
18 some kind of model misspecification that's probably  
19 leading to that.

20           I do believe this is a production process,  
21 it's going to change gradually over time, it should  
22 change gradually over time and if I'm not seeing that  
23 in the estimates, then I question whether I'm  
24 estimating the production technology and that's all I  
25 can tell you. Then I go back and I try to think of

1 things that I left out of the model, what am I  
2 missing, what's going on here that I'm missing and  
3 sometimes I can find things, sometimes I can't, and  
4 the MPBCS is a great example. When you look at those  
5 estimates, they're very sensitive to small changes and  
6 I don't really believe I'm estimating the technology  
7 there very accurately. I just don't think it is.

8 I also know, look, this operation is being  
9 phased in and phased out in these plants and so I know  
10 that matters, so I've tried to do some things with the  
11 data like if it's the first four quarters in which an  
12 operation is used or the last four quarters before  
13 it's eliminated, I don't use those operations.

14 It could be that the MPBCS is something  
15 that's not phased out entirely, so I still see it in  
16 operation, but the way it's being used in the plant is  
17 changing over time as these other technologies are  
18 added and that's probably what's responsible for the  
19 difference in results and I'm not picking that up in  
20 the kind of variables that I have.

21 What I would like to know is if I had access  
22 to people like yourself that I could ask these  
23 questions, I'd say what am I missing in the MPBCS?  
24 What's going on there in the way that it was used in  
25 1999 versus 2000 versus the way it's used in 2004. I

1 still see the step there, I realize the hours are  
2 less, the TPF is less, but are you using it in a  
3 fundamentally different way?

4           If you came back and said, yes, now we're  
5 doing this with it where we used to do that, then I'd  
6 think about, okay, is that something I could capture  
7 in the model?

8           The model building here is more of an  
9 interactive process, I guess, you know, sort of  
10 confronting the estimates with what you know about the  
11 technology and then saying does that make sense? And,  
12 if not, going back, what am I missing, is it really  
13 just bad data?

14           I think I've been able to make progress over  
15 time in identifying things that were missing from the  
16 model or ways that I'd improve the model, so I do feel  
17 like at least there's progress being made, but if I  
18 eliminated everything to the point where there's no  
19 specification issues, no, probably not.

20           MR. WALSH: Just more generally, my  
21 understanding is that the ultimate purpose of this is  
22 to figure out the elasticity and the marginal costs of  
23 the test year, which is few years out, and yet the  
24 models that you're working with, of course, are  
25 historical in nature and they're looking at what was

1 the elasticity in some period three or four or five  
2 years ago over an average of some years.

3 MR. ROBERTS: Right.

4 MR. WALSH: Is there some way to more  
5 directly address the question of what it would really  
6 be or estimate more directly what it would be in the  
7 test year, especially considering the way the estimate  
8 seemed to be so heavily dependent on just what period  
9 of past years that you chose in the first place?

10 MR. ROBERTS: One way in which the period  
11 matters is that we're estimating elasticities which  
12 I'm treating as constants, but that can be generalized  
13 but I'm treating them as constants over the historical  
14 period that I estimate.

15 Now, you can still recognize that letter  
16 sorting, for example, is a mix of these four  
17 operations and the relative importance of these  
18 operations changes over time, so even though I have a  
19 constant elasticity for each operation, I can  
20 recognize that, gee, manual was a lot more important  
21 early on and DBCS is much more important now.

22 So when I'm getting my aggregate, what I  
23 call my letter elasticities, those are weighted  
24 averages over all the operations. Those weights are  
25 labor shares. Those could be specific to any year in

1 the sample. I've constructed them just at the average  
2 over everybody, but I could go into the data and say,  
3 no, let's do it using the 2004 shares because they  
4 have a much bigger role for DBCS, they have a much  
5 bigger role for AFSM, and we know that that's going to  
6 be more important two years go. So you could do some  
7 adjustments like that. They're not going to make huge  
8 differences because these shares don't change  
9 drastically from year to year, but they will make  
10 some.

11           So partly it's an estimation question and  
12 then partly it's an aggregation question, how do you  
13 want to aggregate these estimates up and use them and  
14 I think you could think of those a little bit  
15 differently.

16           MR. BOZZO: This is Tom Bozzo and I actually  
17 completely agree and disagree with this idea that the  
18 roll forward and the base year elasticity estimates  
19 are completely separable, that the way the Postal  
20 Service has traditionally conceived this is that we  
21 estimate costs or elasticities that are somehow  
22 representative of the conditions in the base year and  
23 then do roll forward type adjustments, including  
24 adjusting these cost shares in response to expected  
25 changes in equipment deployments over the relevant

1 time horizon and that's how we wend up getting costs  
2 that are a projection of test year conditions.

3           So the two parts of the analysis are, I  
4 think, interrelated in that regard and obviously while  
5 there are many things that are different about what we  
6 do in this regard, I don't think there's any  
7 difference in the underlying principle.

8           MR. KOETTING: Eric Koetting from the Postal  
9 Service. On the cost pools, I'd just like to clarify  
10 the question about how would you utilize the cost  
11 pools and you said, well, you wouldn't use cost pools,  
12 you'd just use the letters but I'm very confused about  
13 that.

14           Is what you're saying that you would use the  
15 letter variability and you'd just aggregate all of  
16 those cost pools into one letter cost pool? The  
17 variability that you have, for example, doesn't apply  
18 to allied operations at all, they're not in anything  
19 that you've done.

20           MR. ROBERTS: No, it would just be for  
21 letter sorting. It would only be for letter sorting.

22           MR. KOETTING: So you would still have cost  
23 pools, they just wouldn't be the individual operation  
24 ones, you would just aggregate letter cost pools and  
25 flat cost pools?

1           MR. ROBERTS: I would aggregate the OCR,  
2 DBCS, manual pools into a letter pool. And, in fact,  
3 the formula that I present for marginal costs has that  
4 underlying them. It's average costs on the right-hand  
5 side, it's CL, which is the cost pool for letters and  
6 that's the sum over all of these. Yes.

7           MR. KOETTING: Thank you.

8           MR. WALSH: Just continuing on that, you  
9 know, we will face some of these situations in a few  
10 years with the FSS machine which introduces delivery  
11 point sequencing for flats and it's a huge investment  
12 in new technology and it will surely have its own  
13 elasticity and we will face at some point a rate case  
14 in which we are projecting a massive buy and  
15 installation of these machines over a few years which  
16 would change the elasticity and the marginal costs in  
17 the test year.

18           Now you're saying we should do that by  
19 assigning different weights.

20           MR. ROBERTS: If the thing has not been  
21 introduced yet, then that's hopeless.

22           MR. WALSH: No, let's assume it's been  
23 introduced so that we have some means of estimating  
24 its elasticity.

25           MR. ROBERTS: Okay. Then you do have some

1 flexibility to change weights in the way you would  
2 aggregate over the sorting steps and if you say, look,  
3 we know that we're going to shift our volume toward  
4 this particular step, that could be used as an  
5 argument for increasing the weight on that step.

6 MR. WALSH: So in this case, we would have a  
7 separate variability for FSS machine as opposed to  
8 just a variability for flat sortation in general.

9 MR. ROBERTS: Okay. So what I'm saying is  
10 for the variability on the FSS would be one of the  
11 components that would create a variability for flats  
12 and the question is how much weight do you give that  
13 in creating his aggregate and that's what I was  
14 thinking of. You have some flexibility in saying, no,  
15 the weight, which is the share of total hours that are  
16 in this operation, flat sorting hours in this  
17 operation. No, we know that's going to go up over  
18 time, so we would argue for having a higher weight  
19 than what we see in the current year because we know  
20 we're going to increase it.

21 And it would all just come down to  
22 justifying what's the mix of sorting operations that  
23 you think are relevant for whatever test year you're  
24 supposed to be producing.

25 MR. WALSH: Doesn't that all presuppose that

1 you have a separate elasticity measure for each of the  
2 cost pools?

3 MR. ROBERTS: A separate elasticity for each  
4 of the sorting operations, yes. Yes. But you don't  
5 have to take those cost pools, those sorting  
6 operations and allocate costs of sorting operations  
7 across rate classes of mail cost pool by cost pool.  
8 You do it for flats and you allocate those across  
9 rates. You allocate that across rate classes, okay?

10 MS. DREIFUSS: I want to thank everybody.

11 I see we have one more and then maybe one  
12 more after that, I think.

13 MR. WALLER: John Waller with the  
14 commission. You may have partially addressed this and  
15 tried to answer it when you were talking about what  
16 happened between flat sorting manual and the AFSM 100.  
17 What's the explanation of why when you really are  
18 similar type technologies that you have such a  
19 difference in variability on letters and flats?  
20 What's the basis of that? Are there greater set up  
21 times on flats, if that is the cause of it? Is it a  
22 mix?

23 MR. ROBERTS: Yes. I'm going to give you  
24 the mechanical answer, John, not the answer you really  
25 want, but what it traces back to is -- think of these

1 operations. Suppose it was just manual and then the  
2 newest, fanciest, high tech automated operation, so  
3 let's just think of manual and AFSM, manual and DBCS  
4 on the letter side.

5           If we look at the results, we find that the  
6 DBCS and the AFSM look sort of similar in their hours  
7 response to volume changes. They look roughly the  
8 same. If you add the two coefficients up, they come  
9 up a little bit more than 1, 1.01 and 1.2 in the other  
10 case. The big difference is in the manual and I'm  
11 seeing that in letters the manual response is .91 and  
12 in flats it's .60 and that's really where all the  
13 difference is coming from. You'll notice those shape  
14 elasticities that I showed you, so it all traces back  
15 to what's going on in the manual operation.

16           MR. WALLER: Would that warrant some special  
17 study, then, of the two manual operations if that's  
18 driving it so much?

19           MR. ROBERTS: Yes, I think that would be  
20 very interesting. I've sort of isolated in this data  
21 what I can see as the source of the difference. I  
22 don't know why that difference is there but I think a  
23 case study -- I was hoping someone here maybe would  
24 have some ideas on what it was, but, yes, it's got to  
25 be matched up with the reality of what's going on in

1 the plants, not just looking at the data here.

2 MR. WALLER: They do have different sort  
3 rates, no doubt about that.

4 MR. WALSH: There's some fundamental  
5 differences between letters and flats in terms of our  
6 service requirements. Letters, you've just got two  
7 varieties, basically, your first class and standards,  
8 standards deferable. That means for letters all you  
9 ever have to sort on the manual cases are things that  
10 won't go through the automation, that are rejected or  
11 you look at and decide not to put through in the first  
12 place.

13 The flats you have a different situation  
14 because you have periodicals and an priority there.  
15 They have service requirements. A lot of daily  
16 newspapers and the like, they come in way too late to  
17 ever go on any machine. They've got to be sorted by  
18 hand. A lot of priority, the same thing, you don't  
19 have any time to try and put it on a machine. Also, a  
20 lot of the sort runs that would be required are much  
21 too short. By the time you set the machine up and  
22 then sweep it down, you've spent 15, 20 minutes  
23 setting up and taking down to run five minutes worth  
24 of mail. That doesn't make any sense. This is a  
25 fundamental difference between flats and letters and

1 I suspect that's what behind what you're talking  
2 about.

3 Is that consistent with the nature of the  
4 difference you've seen?

5 MR. ROBERTS: It's even a little more subtle  
6 because where I see the change is between this time  
7 period and the prior time period that I was working  
8 with, the '94 to 2000 data. There, I found the manual  
9 variability in flats was .8 something to .9  
10 something -- here it is, .84 to .96. And now I'm  
11 getting something like .7. So there's something  
12 that's different about the prior time period versus  
13 the latter.

14 Now, of course, the introduction of the AFSM  
15 is a big part of it. Thinking the next step, though,  
16 why does that change the output variability for  
17 manual? It's got to require some kind of change in  
18 the way that manual labor is being used in the plant  
19 to respond to volume changes. I could imagine there  
20 might be some, but I don't know exactly what it might  
21 be.

22 MR. WALSH: The AFSM technology is much more  
23 effective than the previously 881 technology and we  
24 now have these things fully deployed so we have plenty  
25 of capacity.

1           It used to be that there was a lot more mail  
2 that went on manual because the 881s were not anywhere  
3 near that much more effective than manual in the first  
4 place, so you had a more steady stream of stuff going  
5 through there.

6           Now, all you've got is this high priority  
7 stuff, stuff you have to get out. People sit there  
8 waiting for it to get there, so you're going to have  
9 more waiting time, for example, in the current flats  
10 operation than you used.

11           MR. ROBERTS: There is one fact that is  
12 employee in the data that's consistent with that is  
13 that this is when you look over time -- in fact, it's  
14 Figure 1 in the paper, Figure 1, the bottom part of  
15 it, I give you just the total man hours in the four  
16 flat sorting operations and you do see that the  
17 quarterly variation in hours is quite large in the 881  
18 and the manual in the early part of the time period  
19 and as you move into the later part of the time  
20 period, the quarterly variation is all picked up by  
21 the AFSM and you see the manual has both declines, but  
22 it also appears to have less cyclical sensitivity to  
23 it, too. I think that's consistent with what you're  
24 saying and that's my guess, is that that has some role  
25 to play in why these variabilities are less, but

1 that's a guess.

2 MS. DREIFUSS: Well, I want to thank  
3 everybody today. There was quite a valuable exchange  
4 of information and opinion here and very satisfyingly  
5 done in a most amicable way possible.

6 My very special thanks go to Mark Roberts.  
7 I think he answered your questions very patiently and  
8 I think very thoroughly, certainly to the best of his  
9 ability which is considerable.

10 Thank you all for coming. This is the end  
11 of our workshop.

12 (Whereupon at 2:28 p.m., the workshop in the  
13 above-entitled matter was concluded.)

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

REPORTER'S CERTIFICATE

DOCKET NO.: N/A  
CASE TITLE: Workshop by Professor Mark Roberts  
HEARING DATE: March 14, 2006  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Postal Rate Commission.

Date: March 14, 2006

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018

Heritage Reporting Corporation

(202) 628-4888